

**IN THE CIRCUIT COURT OF THE TWENTY-SECOND JUDICIAL CIRCUIT
McHENRY COUNTY, ILLINOIS**

PAUL R. DULBERG, INDIVIDUALLY)
AND THE PAUL R. DULBERG)
REVOCABLE TRUST)

Plaintiffs,)

vs.)

THOMAS W. GOOCH, SABINA SERSHON,)
EDWARD X. CLINTON, JULIA WILLIAMS,)
ALPHONSE TALARICO, GEORGE FLYNN,)
THOMAS J. POPOVICH, HANS MAST, THE)
GOOCH FIRM, CLINTON LAW FIRM,)
LLC., LAW OFFICE OF ALPHONSE A.)
TALARICO)

Defendants,)

CASE NO. 25LA360

**EXHIBIT 150 - PART 3 OF 6
FOR COMPLAINT FILED ON DECEMBER 4, 2025**

ATTACHED AS:

Exhibit 150_Part 3 of 6_1 Dulberg Documents to BE Produced NON TAX Complete JCW 2019 May 28.pdf